

STATE ARBITRATION BOARD
1022 Lothian Drive
Tallahassee, Florida 32312

May 17, 1990

+ + + NOTICE + + +

In the case of Gator Asphalt Company versus the Florida Department of Transportation on Project No. 17040-3518 in Sarasota County, Florida, both parties are advised that State Arbitration Board Order No. 2-90 has been properly filed on May 17, 1990.

H. E. Cowger

H. Eugene Cowger, P.E.
Chairman & Clerk, S.A.B.

S.A.B. CLERK

MAY 17 1990

FILED

HEC/sfc

Copies of Order and Transcript to:

R.D. Buser, P.E., Director, Office of Construction/FDOT

Dan Mathews, Project Manager/Gator Asphalt Company

RE:

Request for Arbitration by
Gator Asphalt Company on
Job No. 17040-3518 in
Sarasota County

The following members of the State Arbitration Board participated in the disposition of this matter:

H. Eugene Cowger, P. E. Chairman
Frank Carlile, P. E. Member
Sam Turnbull, P. E. Member

Pursuant to a written notice, a hearing was held on a request for arbitration commencing at 11:10 a.m., Tuesday April 10, 1990.

The Board Members, having fully considered the evidence presented at the hearing,, now enter their order No. 2-90 in this cause.

ORDER

The Contractor presented a request for arbitration of a claim for additional compensation in the amount of \$94,148.25 to cover additional costs incurred because of alleged improper enforcement of specification requirements in regard to backfilling of storm sewer pipe trenches by the Department of Transportation.

The Contractor presented the following information in support of his claim:

1. During the three week period immediately preceding December 3, 1988, we encountered wet conditions in backfilling the portion of storm sewer pipe trenches below the elevation of the top of the pipe, even though we had successfully dewatered the pipe trench to allow placing of the pipe as required by the specifications. The water table was at approximately the same elevation as the top of the pipe. The Department of Transportation instructed us to use mechanical tampers to compact the backfill in this situation even though borings taken by them indicated the soil to be of the A-3 soil classification. Because of the wet conditions encountered and our being required to use mechanical tampers

beginning with the bottom layer of the trench, we were unable to achieve the required density using mechanical tampers. We think that the problem in achieving density where wet conditions existed could have been avoided if we had been allowed to hand tamp the first two or so layers of the backfill.

2. Several times during the period when these wet conditions were adversely affecting our ability to achieve density, we requested that the Department of Transportation approve use of Article 125-8.3.3 of the Standard Specifications, Backfill under Wet Conditions, which allows hand tamping of backfill until the backfill reaches an elevation such that its moisture content will permit use of mechanical tampers. They refused our request.

3. On December 3, 1988, the Department of Transportation reversed their position. They allowed us to begin backfilling the pipe trench in accordance with the specification for backfill under wet conditions and they did not perform density tests along the sides of the pipe. At that time there was approximately 1,200 feet of pipe trench in which the required density had not been achieved up to the elevation of the top of the pipe.

4. Failure of the Department of Transportation to allow backfilling of storm sewer pipe under the specification for backfill under wet conditions during this three week period disrupted and delayed our operations, resulting in increased costs.

The Department of Transportation rebutted as follows:

1. Prior to the period during which the Contractor encountered wet conditions in the pipe trench, he was experiencing problems in consistently obtaining the required density because in many cases the backfill soil was at a moisture content well below the optimum moisture content. After repeated suggestions by the Project Engineer, the Contractor for a short period added water to the backfill material and the percentage of passing density tests

increased. The Contractor then began to double the space between well points and the pipe trench immediately went from too dry to too wet. Thus, the wet conditions in the pipe trench were caused by an action of the Contractor.

2. The Contractor brought excess moisture into the pipe backfill material from below and along the sides of the pipe trench by using an excessive number of passes of the mechanical tamper.

3. After the wet conditions were encountered, the Contractor ignored suggestions by the Project Engineer of means by which he might dry the bottom of the pipe trench and another suggestion that drier soil be used as backfill. The Project Engineer also pointed out a situation where water discharged from dewatering operations was being allowed to stand in a ditch paralleling and approximately 25 feet from the pipe trench.

4. Our inspector suggested to the Contractor on several occasions that he propose to the Project Engineer that backfilling be done under the specification covering backfilling under wet conditions. The Contractor did not make such a request to the Project Engineer. It is our opinion that, since construction operations are under the control of the Contractor, he is in fact responsible for initiating this request.

5. On December 1, 1988, in the interest of allowing progress on the job to continue, the Project Engineer initiated action to utilize the backfilling under wet conditions specification.

6. In our opinion, disruption of and delays to the Contractor's operations were caused by his failure to properly control the pipe backfill operation.

The Board in considering the testimony and exhibits, found the following points to be of particular significance:

1. The testimony revealed that one or more layers of hardpan were encountered in the area where the most severe problems with excessive moisture in the backfill occurred.

2. The Department of Transportation stated that it was the Contractor's responsibility to recognize that the specification covering backfill under wet conditions was applicable. The specifications for constructing storm sewer pipe are essentially method type specifications in which the various operations are spelled out in detail.

3. In its testimony the Department of Transportation mentioned that the trench was dry enough for laying the pipe but moisture was brought in from below and adjacent to the pipe trench as backfilling progressed. The specification covering backfill under wet conditions relates to dewatering for placing backfill.

4. The Contractor contributed to the delays and disruption of operations in this situation by the manner in which he conducted his operations.

From the foregoing and in light of the testimony and exhibits presented, the State Arbitration Board finds as follows:

The Department of Transportation is directed to reimburse the Contractor in the amount of \$30,000 for his claim.

The Department of Transportation is directed to reimburse the State Arbitration Board the sum of \$325 for Court Reporting Costs.

Tallahassee, Florida

Dated: 17 May 1990

H. Eugene Cowger
H. Eugene Cowger, P. E.
Chairman & Clerk

Certified Copy:

Frank Carlile
Frank Carlile, P. E.
Member

H. Eugene Cowger
H. Eugene Cowger, P. E.
Chairman & Clerk, S.A.B.

Sam P. Turnbull
Sam P. Turnbull, P. E.
Member

17 May 1990
Date

S.A.B. CLERK

MAY 17 1990

FILED

STATE ARBITRATION BOARD
STATE OF FLORIDA

S.A.B. CLERK

MAY 17 1990

FILED

GATOR ASPHALT COMPANY)
)
)
)
)
and)
)
)
)
)
DEPARTMENT OF TRANSPORTATION)
)
_____)

PROJECT NO. 17040-3518

LOCATION: Sarasota County,
Florida

ORIGINAL

RE: Arbitration In The Above Matter

DATE: Tuesday, April 10, 1990

PLACE: Florida Transportation Center
1007 Desoto Park Drive
Tallahassee, Florida

TIME: Commenced at 11:10 a.m.
Concluded at 1:10 p.m.

REPORTED BY: CATHERINE WILKINSON
CSR, CP, CCR
Notary Public in and for
the State of Florida at
Large

WILKINSON & ASSOCIATES
Certified Court Reporters
Post Office Box 13461
Tallahassee, Florida 32317

APPEARANCES:

MEMBERS OF THE STATE ARBITRATION BOARD:

Mr. H. E. "Gene" Cowger, Chairman
 Mr. Sam Turnbull
 Mr. Frank Carlile

APPEARING ON BEHALF OF GATOR ASPHALT COMPANY:

Mr. Thomas Downs
 Mr. Bob Taylor
 Mr. Dan Mathews

APPEARING ON BEHALF OF THE DEPARTMENT OF TRANSPORTATION:

Mr. Marshall Dougherty
 Mr. Ken Blanchard
 Mr. Charlie Crews
 Mr. Douglas Moore
 Mr. Oren Whightsel
 Mr. James Mercer
 Mr. Jan Tollefsen
 Mr. Bob Clemens

* * *

I N D E X

EXHIBITS	PAGE
Exhibit Nos. 1 and 2 in evidence	4
CERTIFICATE OF REPORTER	80

P R O C E E D I N G S

1
2 CHAIRMAN COWGER: This is a hearing of the State
3 Arbitration Board established in accordance with
4 Section 337.185 of the Florida Statutes.

5 Mr. Frank Carlile was appointed as a member of
6 the Board by the Secretary of the Department of
7 Transportation. Mr. Sam Turnbull was elected by the
8 construction companies under contract to the Department
9 of Transportation.

10 These two members chose me, Gene Cowger, to serve
11 as the third member of the Board and as Chairman.

12 Our terms of office began July 1, 1989, and expire
13 June 30, 1991.

14 Will all persons who intend to make oral
15 presentations during this hearing please raise your
16 right hand and be sworn in.

17 (Whereupon, all witnesses were duly sworn by the
18 Chairman.)

19 CHAIRMAN COWGER: The documents which put this
20 arbitration hearing into being are hereby introduced
21 as Exhibit No. 1. That consists of the notice of
22 arbitration, the request for arbitration of a claim, and
23 all the attachments to that request for arbitration of a
24 claim.

25 Does either party have any other information it

1 wishes to put into the record as an exhibit?

2 We will go off the record a minute to sort through
3 the exhibits.

4 (Brief pause)

5 CHAIRMAN COWGER: Back on the record. While
6 we were off the record, DOT submitted a package of
7 information entitled claim evaluation dated April 10,
8 1990, which is hereby entered into the record and
9 identified as Exhibit No. 2.

10 Does either party have any other information it
11 wishes to put into the record as an exhibit? Hearing
12 nothing, we will proceed on.

13 (Whereupon, Exhibit Nos. 1 and 2 were received in
14 evidence.)

15 CHAIRMAN COWGER: During this hearing the parties
16 may offer such evidence and testimony as is pertinent
17 and material to the controversy and shall produce such
18 additional evidence as the Board may deem necessary to
19 an understanding and determination of the matter before
20 it.

21 The Board shall be the sole judge of the relevance
22 and materiality of the evidence offered.

23 The hearing will be conducted in an informal
24 manner. The contractor will elaborate on his claim and
25 then DOT will offer rebuttal.

1 Either party may interrupt to bring out a point by
2 coming through the chairman. However, for the sake of
3 order, I must instruct that only one person speak at a
4 time.

5 Also, so that our court reporter will be able to
6 produce an accurate record of this hearing, please
7 introduce yourself the first time you speak.

16
8 I think that before we begin, let's take just a
9 very few minutes here, stop, go off the record, and
10 everybody that wants to can take a look at this rather
11 bulky exhibit that DOT has submitted. We will give the
12 Board and the contractor just a very few minutes to look
13 at it. We will come back on the record very shortly.
14 (Brief pause)

15 CHAIRMAN COWGER: We will go back on the record
16 now. Mr. Downs, is it my understanding that Mr. Taylor
17 is going to present the claim on behalf of Gator?

18 MR. DOWNS: Well, Dan may have a few things to say
19 first.

20 MR. MATHEWS: I'm Dan Mathews with Gator Asphalt.
21 Our total claim is for \$94,148.25. Our claim is
22 based on Taylor Pipeline's attempt to have to compact
23 the trench under wet conditions, and we feel that
24 Mr. Taylor's claim is justified.

25 The fact that he had four different project

1 engineers in the month that he was working on this
2 project contributed to the factors of his delays. And
3 this is Mr. Bob Taylor to my right and he's going to
4 present his claim.

5 CHAIRMAN COWGER: Before Mr. Taylor starts, would
6 you repeat that number.

7 MR. MATHEWS: 94,148.25.

8 CHAIRMAN COWGER: Thank you. Mr. Taylor, you may
9 proceed.

10 MR. TAYLOR: To start with, I would like to ask
11 the Board a procedure here. This file that the State
12 has presented, it's my understanding when we presented
13 our claim to the Board, that nothing would be discussed
14 other than what we have had submitted. There are a lot
15 of things in here we have never seen before.

16 Am I supposed to justify anything they have in
17 here that is true or not true, go through their portion
18 of the claim, also, or is it just what I have filed and
19 presented to the Board?

20 In other words, they filed, you know, answers to
21 some questions here that we have never seen, some of
22 their stands on certain situations that we have never
23 seen before. It was my understanding that nothing
24 other than what was presented prior to today would be
25 submitted.

1 CHAIRMAN COWGER: The position that the Board
2 takes on that is that no new issues can be brought
3 before the Board. I don't think that DOT has brought
4 any new issues.

5 I will ask DOT, in your package dealing with the
6 rebuttal of claim points, is there anything in there
7 that in your opinion has not been previously discussed
8 with the contractor in some of the meetings in
9 attempting to settle this claim?

10 MR. DOUGHERTY: I will defer that to the resident
11 engineer only because this claim has been ongoing since
12 before my time in the construction office.

13 MR. MOORE: I'm the resident engineer, Doug Moore.
14 There have been at least three separate claim settlement
15 attempts on this particular claim. At least two of
16 those were quite lengthy. I believe all of the points
17 that were brought out in our claim rebuttal have more
18 than adequately been discussed with the contractor in
19 those settlement attempts.

20 MR. DOUGHERTY: I would like to interject a letter
21 from Taylor Pipeline dated December 19, 1988, where he
22 states his claim and basis for claim. All of those
23 points that are mentioned in that letter are taken
24 verbatim, are in pretty much the majority and done in
25 this rebuttal.

1 Our rebuttal talks about plan sequence of work.
2 He says he was hampered by us. He talks about
3 backfilling under wet conditions. That's mentioned in
4 there. Achieve a hundred percent density, that's also
5 mentioned in his letter to us. Various size pipes being
6 utilized in order to install the pipe well points,
7 that's mentioned in the letter.

8 I just went through and verified that each
9 one of those points is mentioned in that letter of
10 December 19th.

11 CHAIRMAN COWGER: So that the Board understands,
12 in this document, the three-page document called
13 rebuttal of claim points, the portions of that statement
14 that are underlined -- those are essentially quotes
15 taken from the December 19, 1988 statement of claim
16 submitted by Taylor Pipeline?

17 MR. DOUGHERTY: Yes, sir.

18 CHAIRMAN COWGER: Mr. Taylor, what the Board would
19 like for you to do is to go ahead and present your
20 claim. From looking at the package, I think that you
21 have seen most of this information at some point or
22 another.

23 However, if you feel that there is information
24 here that you need to further evaluate, the Board will
25 consider -- the Board will allow you to submit a written

1 statement subsequent to this hearing to provide whatever
2 additional information you may feel is appropriate that
3 you have not had time to prepare today. Then the Board
4 will -- prepare for today.

5 The Board will then, of course, submit that to the
6 DOT and allow them to make a statement in rebuttal to
7 whatever you may say, and we will close it out at that
8 point.

9 That information will have to be to the Board from
10 you by no later than April 20th, ten days from today, in
11 order for us to allow -- to get it to the DOT to let
12 them look at it and get it back and give us time to
13 consider it between the time we meet again -- before we
14 meet again.

15 Let's proceed on on that basis. And at the end
16 of the hearing we will come back and discuss this a
17 little bit more as to whether or not the Board feels it
18 is appropriate for you to make such a submittal. We are
19 not making that commitment at this point, we're just
20 trying to ease your concern a little bit.

21 Okay, if you will proceed on.

22 MR. TAYLOR: Basically my claim as mentioned
23 before was the fact that I did ask several times to be
24 able to use the backfill under wet conditions and I was
25 denied that. I continued to work on the job trying to

1 obtain densities.

2 I do have some photos here that I guess I'll just
3 use these as I go along with describing the claim.
4 Basically I would like to submit these just to show the
5 efforts that we did put into the compaction and then
6 I will go a little further into the different areas we
7 had problems with.

8 CHAIRMAN COWGER: What is occurring right now
9 for the record is that the contractor has some color
10 photographs of the work. We are passing those around.
11 Members of the Board and DOT will examine those
12 photographs. They are of the pipeline -- of the storm
13 sewer work as it was under construction.

14 MR. TAYLOR: This just shows some of the efforts
15 we put into the densities. And then as I mentioned, the
16 compactors had to make several passes over the materials
17 before the water did come up to the top. I brought some
18 pictures to show in some areas we weren't even able to
19 make one pass, let alone several.

20 These next few pictures show the area that my
21 claim basically consists of where we had the major
22 problems. We well pointed. As we laid the pipe, the
23 trench was dry in the well point system.

24 After several hours of open trench, some of the
25 moisture started seeping in from the far side of the

1 trench.

2 On several occasions I asked to be allowed to use
3 the backfill under wet conditions to where we could come
4 up and get a foot above the wet material to where it
5 would support a compactor, a mechanical compactor, which
6 the specifications called for.

7 We were denied that and told to -- didn't care how
8 we did it, to put points across the ditch and try to dry
9 the other side of the trench before we were able to come
10 up any further, which we made every effort in doing so.

11 At one point I asked the engineer if we would be
12 able to backfill under wet conditions. I explained that
13 when we bid the job we went by the core borings as far
14 as Class 3 material and also the fact that it showed the
15 water table at elevation 26 on the core borings, and the
16 description of the materials on the job site.

17 An elevation 26 is approximately at the top of
18 the pipe where we were laying it. Elevation 29 is the
19 approximate finished roadway, which is about three foot.

20 I was told at that time there was no such thing as
21 a water table, and that we had to dry our trench and
22 all the way down to the bottom of our ditch, which was
23 approximately nine, nine and a half foot from the top of
24 the ground.

25 The next picture I'm presenting is after we put

1 in the well points it shows that the core borings
2 were correct in showing that the water table was
3 approximately three to three and a half foot from the
4 top of the ground.

5 From December the 3rd, I believe it was, after
6 several attempts to get the DOT to let me backfill under
7 wet conditions, I was told verbally and later given a
8 memorandum from Bartow stating that we could backfill
9 our trench under wet conditions.

10 And at that time I had, I believe, approximately a
11 thousand, 1200 foot of trench open. I was told at that
12 time to go ahead. And all the efforts that I made
13 previous to that on compaction was null and void to
14 backfill the trench to the top of the pipe, which was
15 the water table that was on the plans and the densities
16 would start one foot above the pipe to the finish grade.

17 From that point on I had very little difficulty
18 in keeping any density. These next pictures show the
19 procedures that we used that I asked for all along and
20 was denied, and they let me, after December the 3rd. At
21 that point I was able to lay my pipe and at the end of
22 the day have my trench backfilled pretty close to where
23 the end of the job was in the evening with the backfill
24 all the way to the top.

25 We were told that we could take T-tamps and

1 compact around the haunches of the pipe, bring it up by
2 hand. We put mechanical tampers in there and compacted
3 it in layers but the densities were not taken.

4 I have a copy here of the backfill under wet
5 conditions specification that does say that on Class A-3
6 material that you can backfill by hand until you get
7 to the point to where mechanical tamping can be
8 supported.

9 Also, I feel that the density tests that were
10 taken, I don't really feel that they were accurate to
11 the point -- I put these next few pictures will show we
12 used a jumping jack to try to obtain the density
13 alongside of the pipe.

14 We were achieving 98, 99 percent, 99.3, but they
15 weren't enough to pass the density. So we spent a
16 couple of days with a 16-ton roller that we put on the
17 same runs of pipe that we used the compactor on, the
18 jumping jacks.

19 We were told that the 16-ton roller did not bring
20 the density up any higher than it did with the jumping
21 jack. We find that very hard to believe. These are
22 some pictures of the efforts we made in trying to
23 increase the density.

24 Also, I checked with Ardaman and Associates on
25 some of our densities that failed, we had optimum

1 moisture, exactly optimum moisture. We were trying to
2 find a method to get our densities because it was so --
3 we were so close with 98s and 99s. We couldn't
4 understand why we weren't getting a hundred with optimum
5 moisture in some areas.

6 Ardaman advised me at that time we achieved the
7 99 percent, 99.3. We made several passes with the
8 compactor and it actually went down. The optimum
9 moisture still stayed at exactly what we were supposed
10 to have, I think it was 12 and a half or 13 percent.

11 I was quite awed by the fact that we couldn't get
12 the densities to pass being that the material was very,
13 very tight. If you stomped it with your foot, you could
14 virtually hurt the bottom of your foot, the material was
15 so tight. We used a probe rod and actually leaned
16 across the probe rod with the average weight of say 165,
17 170 pounds and the probe rod would go in the ground two
18 or three inches, yet our densities still couldn't pass.

19 I asked Gary Smith at Ardaman, I said I'm
20 bewildered with optimum moisture. We get 98, 99
21 percent, we make a few more passes, take the test again,
22 the optimum moisture stays the same but the test number
23 drops down to 97 or 96.

24 His opinion, from years of experience, we did have
25 100 percent, optimum moisture, had 99.3 or 99. If it

1 starts going the other way you've broken the curve and
2 you've lost your density. Like I say, it was optimum
3 moisture.

4 CHAIRMAN COWGER: Which of the compactive efforts
5 are you referring to there when you consulted with
6 Ardaman? Were you using the roller or the jumping
7 jack-type compactor?

8 MR. TAYLOR: The jumping jack at that point. This
9 is one more final picture that shows that we just put
10 the material on the pipe by hand and were T-tamping it,
11 bringing it up.

12 The main thing that is confusing to me is
13 I actually -- there were some areas that were driveways
14 for businesses that were open and had a nine and a half
15 foot cut in front of it, and we were trying to get these
16 driveways open.

17 We actually shut down our pipe laying procedures
18 and spent several days in trying to achieve densities in
19 these areas, which we never did. We never did get our
20 hundred percent on all of the lifts. In some areas we
21 did, but most of them were 98, 99. We never did achieve
22 the hundred percent in all of the areas we needed.

23 Like I say, we were told to go ahead and backfill
24 the trench and start taking densities one foot above the
25 pipe.

1 My biggest complaint and the basis of my claim is
2 I spent close to three weeks in time and equipment and
3 labor to achieve these densities and then I was told
4 regardless of if they failed or if they passed that they
5 weren't necessary.

6 So these are the bases of my claim. My biggest
7 objection is I spent several days and up to three weeks
8 making -- trying to attempt these densities that were
9 fluctuating 98, 99, back and forth.

10 Then I was told that they weren't even required,
11 that the densities weren't necessary, they would start
12 taking the densities, we would use backfill under wet
13 conditions and continue to backfill by hand up to the
14 top of the pipe.

15 See, that's the major points of my claim that all
16 the efforts we spent trying to achieve these, that we
17 were told at a later date that they were null and void
18 and we would take our densities a foot above the pipe.

19 CHAIRMAN COWGER: Just for point of clarification,
20 that three week plus or minus period of time that you're
21 referring to is the three weeks immediately prior to --

22 MR. TAYLOR: December the 3rd.

23 CHAIRMAN COWGER: December 3, 1988?

24 MR. TAYLOR: Yes. There were times after that
25 that we still had problems, but I never did refile an

1 additional claim to increase the amount.

2 We were trying -- I met with Ken Blanchard several
3 times in Tallahassee twice here, talked to him on the
4 phone. We were trying our best to get this resolved
5 because of my company's financial situation, to not go
6 into a long-term, two or three-year battle.

7 Once I increased the claim, I would have to go to
8 a court battle, which I could not afford. I was trying
9 to rely on the Arbitration Board to settle our problems,
10 keep it below the \$100,000.

11 CHAIRMAN COWGER: Your claim, the amount you're
12 claiming is concentrated in that three-week period, is
13 that correct?

14 MR. TAYLOR: Well, it's over a longer period of
15 that, but that's approximately the time I lost, you
16 know, time-wise, that I spent, over and above what was
17 normally required.

18 CHAIRMAN COWGER: Let me ask both DOT and the
19 contractor this question. The dispute here today
20 relates only to backfill from an elevation somewhere
21 near the top of the pipe, maybe a little bit above the
22 top of the pipe, down to the flow line of the pipe or
23 the bottom of the pipe, is that correct?

24 MR. TAYLOR: That's correct.

25 CHAIRMAN COWGER: There's no dispute after you get

1 above that point?

2 MR. TAYLOR: No, sir.

3 CHAIRMAN COWGER: Before DOT rebuts, I kept a few
4 of these photographs that were passed around. I will
5 pass them on around.

6 I notice that the contractor in some situations
7 installs well points on one side only and in other
8 situations it appears that he's installed some well
9 points on the opposite side by coming off the same
20 10 header pipe and putting the well points on the opposite
11 side of the pipe from the header. That's this
12 photograph right here.

13 Is that typical? Was putting well points down
14 one side pretty much typical of this project?

15 MR. CREWS: I was the project engineer assigned
16 during this claim period, the month of December. The
17 pictures that Mr. Blanchard is looking at has the well
18 points at an angle. Mr. Taylor was trying to take the
19 moisture down below the pipeline.

20 Now they was put in there for a reason. I realize
21 this is not unusual -- at least it isn't unusual the way
22 it's presented. Our density inspector, Mr. Tollefsen,
23 is familiar with the procedure Mr. Taylor was using. He
24 was trying to lower the water table directly below the
25 pipeline or trying to intercept the water.

1 You had a roadside ditch that was approximately 30
2 to 40 feet to the south of the pipe trench. And the
3 water that was percolating through was being a problem
4 with the pipe trench.

5 And if I -- correct me, if I'm wrong, he was
6 trying to intercept that water to keep it away from the
7 pipe trench before it got there? That's area where the
8 well points are angled?

9 MR. TOLLEFSEN: You are referring to this? Yes,
10 that was an unsuccessful attempt. Also, he was pumping
11 that water back into his backfill, which shows along the
12 trench there, which we -- I guess --

13 MR. CREWS: One of our contentions, Mr. Chairman,
14 was one of Mr. Taylor's ways of dewatering was pumping
15 the water back into a roadside ditch which was in turn
16 percolating back through and creating a problem of his
17 own.

18 We feel that the water should have been allowed to
19 go on through the project on downhill.

20 CHAIRMAN COWGER: The question I had, really, and
21 let me make a statement and tell me if it's correct, in
22 the interest of time.

23 The typical way of dewatering on this project was
24 to install a lot of well points down one side of the
25 pipe, and that picture that shows the well points

1 crossing over the pipe to the other side was only in one
2 area?

3 MR. CREWS: That's correct.

4 MR. TOLLEFSEN: That was just sort of an
5 experimental attempt. Generally they went down one
6 side.

7 CHAIRMAN COWGER: Do you agree with that,
8 Mr. Taylor?

9 MR. TAYLOR: Yes, generally.

10 CHAIRMAN COWGER: Which side of the pipe was the
11 ditch, the same side as the well points or the opposite
12 side?

13 MR. CREWS: Opposite side. The well points are on
14 the east side of the pipe trench. Roadside ditch is on
15 the west side -- excuse me, north side and the roadside
16 ditch was on the south side.

17 CHAIRMAN COWGER: The well points were on which
18 side of the pipe in relation to the centerline of the
19 road?

20 MR. CREWS: The north side.

21 CHAIRMAN COWGER: Away or toward the --

22 MR. CREWS: Away from the roadside ditch.

23 CHAIRMAN COWGER: On the right-of-way side of
24 the pipe. I think rather than getting too far off the
25 subject now, I just wanted to clarify those observations

1 from the photographs.

2 Mr. Taylor, did you have anything further you
3 wanted to say at this point or would you like to let DOT
4 come back?

5 MR. TAYLOR: I would like to mention something
6 about the trench. When we first opened the ditch there
7 was several layers of hardpan. When we first installed
8 the ditch, both sides of the trench were very dried up
9 in three to four hours after we installed the pipe.

10 We had a difficult time getting the far side of
11 the trench compacted. The closest side of the ditch
12 where the well points were we had no problems. It was
13 dry, no moisture.

14 As far as the water running down the trench when
15 we were dewatering, it was the only way we could get the
16 water to a ditch or trench area to get it away from us.
17 The ditch would be going uphill the other way.

18 I asked Ardaman about it. Like I mentioned, there
19 were several layers of hardpan. The water was moving.
20 It was not sitting in that trench for any period of
21 time. His feelings were that as long as the water was
22 moving, it would have an awful hard time coming from the
23 ditch nine and a half foot in the ground to affect my
24 backfill and my compaction at the very bottom of my
25 trench.

1 His feelings were that water came from the seepage
2 through the bottom layer of hardpan that we laid the
3 pipe on. We actually laid the pipe on hardpan.

4 Closest to the well points it was dry, no moisture
5 at all. On the other side of the 48-inch pipe the water
6 seeped through the bottom of the ditch, the hardpan, but
7 was not coming through the trench along the roadside.

8 I asked Ardaman and Associates their feelings on
9 that and they said they didn't feel the water, as long
10 as it was moving, could affect the well point system in
11 the bottom of the trench, nine and a half foot deep.

12 I had no water at the top of the trench from the
13 far side of the ditch. It was all still right at the
14 very bottom. I had no problem all the way up to the top
15 of the ditch after, you know, two or three feet from the
16 bottom of the trench. There was no seepage.

17 My biggest complaint was I felt at that time on
18 those first three to four hours of critical time, if
19 I was allowed to use backfill under wet conditions which
20 I have done on other DOT jobs, I would be able to get my
21 backfill in there, get that first layer or two compacted
22 and be gone.

23 But I wasn't permitted to do that until December
24 the 3rd, until after I had spent several days and weeks
25 trying to get compacted which eventually wasn't even

1 counted.

2 Regardless of if my method of well pointing
3 was right, wrong, I feel they were right because my
4 trench was dry when I laid my pipe. All these efforts
5 were null and void and they only took the densities
6 after that period of time a foot above the pipe.

7 So I'm basically wanting to be reimbursed for all
8 that time that I had to spend that they eventually said
9 was not necessary.

10 CHAIRMAN COWGER: I think what would be
11 appropriate now is to let DOT take this -- not to direct
12 your testimony, but I think this would be appropriate,
13 if you don't object, in your claims evaluation package,
14 DOT, you have a rebuttal claim points, a three-page
15 statement in here.

16 I think it would be appropriate if the DOT gave
17 some testimony now sort of following along the lines
18 of this statement so that the Board can follow and
19 also then Mr. Taylor can follow, and if there's any
20 information contained in there that he wants to rebut
21 specifically, he can do so.

22 MR. DOUGHERTY: Okay. I'll be glad to do it that
23 way. As I mentioned earlier, his letter of December 19,
24 1988, had these points in it that we are going to try to
25 rebut at this time.

1 FDOT disrupted Taylor's plan sequence of work
2 which resulted in an additional cost to Taylor. FDOT
3 completely disagrees with this statement. The only
4 requirement that FDOT placed on Taylor Pipeline was to
5 adhere to the standard specifications. I don't mean to
6 read this to you. I'm going to try to recap this if I
7 can. I'm certain everyone has read these points.

8 "Mr. Taylor has stated that FDOT would not allow
9 backfill material to be placed as outlined in the
10 specifications, Section 125.8.3.3, backfill under wet
11 conditions.

12 "Jim Mercer, who was the project engineer until
13 November 3, 1988," and who is the room right now at the
14 other end of the table, "has stated that the moisture
15 content during his tenure as engineer was too low to
16 adequately achieve density.

17 "Taylor Pipeline persisted in trying to achieve
18 passing density, even though many density tests have
19 moisture well below optimum.

20 "When this condition exists, rarely will passing
21 density be obtained, and never with any consistency.

22 "Mr. Bob Taylor was given this information
23 concerning this, with recommendations for correcting
24 the problem on at least four different occasions," with
25 Mr. Mercer.

1 "A conversation was held with Mr. Taylor's pipe
2 foreman during the week of October 31, 1988, and
3 revealed that Mr. Taylor had never passed this
4 information along.

5 "Eventually the pipe foreman was advised by
6 Mr. Taylor to add water to help increase the moisture,
7 the percentage of passing densities began to increase.
8 However, only a short period after adding water to the
9 trench, Taylor Pipeline stopped this process.

10 "Mr. Taylor began to double space the well points
11 to help increase moisture content without having to
12 water.

13 "When this was done, the trench went immediately
14 from too dry to one that was too wet. Thus Mr. Taylor
15 created the wet material himself. It was only after
16 Mr. Taylor created this problem that FDOT allowed the
17 use of this specification."

18 CHAIRMAN COWGER: Please stop at this point.
19 I think it would be appropriate to let Mr. Taylor
20 comment on this at this point because when you turn
21 the page you're going to go to a different subject.

22 MR. DOUGHERTY: Okay.

23 MR. TAYLOR: This is what I had mentioned when we
24 first opened. This wasn't submitted. We had no idea
25 this was coming. This is not part of my claim. It

1 never was part of my claim.

2 I sat down with Mr. Blanchard here in Tallahassee
3 and explained to him, this is the area -- and I will
4 submit this picture -- that they're talking about, that
5 was too dry next to an orange grove.

6 If you will look at this material, there is no
7 hardpan. This material is very porous. When you do
8 well points, you can see I've got my well points every
9 other hole and in some places every hole because the
10 water was very porous and came through the material.
11 So, we had to put the points close together to get it
12 dry to lay the pipe in a dry trench, which we did.

13 We did have problems with it being too dry. It
14 did take us some time to adjust and try to figure out
15 how we were going to handle this, which Gator Asphalt
16 supplied means of water. We got a pump and started
17 pumping water on the top of the trench and making a few
18 passes until we got our densities passed.

19 This area that they're speaking of at this time
20 had all passing densities. Eventually we achieved all
21 passing densities on this area.

22 But this is not in the claim. And what the
23 gentleman just spoke of now is not a part of my claim
24 whatsoever. I will submit this picture now of the dry
25 area they are talking about.

1 MR. DOUGHERTY: Mr. Chairman, Mr. Mercer would
2 like to speak to that, if he could.

3 CHAIRMAN COWGER: Before Mr. Mercer speaks, if
4 you will hold one second. Looking at DOT Exhibit No. 3,
5 there are some charts in here called pipe backfill.

6 MR. DOUGHERTY: Yes, sir, there are.

7 CHAIRMAN COWGER: They apparently cover -- there's
8 four charts.

9 MR. CREWS: Mr. Chairman, may I make one
10 statement?

11 CHAIRMAN COWGER: Sure.

12 MR. CREWS: The analysis of this claim, at the
13 time I was the project engineer on the job. I had
14 restricted the analysis of the claim with the time frame
15 Mr. Taylor had presented during his claim. I tried to
16 take out everything prior to. There was some problems
17 prior to that Mr. Mercer may elaborate on, but these
18 charts were derived during the time frame of
19 Mr. Taylor's claim.

20 CHAIRMAN COWGER: All right. The time frame of
21 Mr. Taylor's claim then must be the time frame shown in
22 his daily labor and equipment cost summary starting
23 November 11 and going through December the 3rd.

24 MR. CREWS: Yes, sir.

25 CHAIRMAN COWGER: Your analysis is confined --

1 MR. CREWS: Confined to that period of
2 November-December.

3 CHAIRMAN COWGER: According to your records you
4 confined your analysis to the areas he was working
5 during those -- during that period of time in accordance
6 with your records?

7 MR. CREWS: Yes, sir. These charts were derived
8 from that period of time.

9 CHAIRMAN COWGER: These charts cover the areas
10 that he was working during that period of time?

11 MR. CREWS: Yes, sir.

12 CHAIRMAN COWGER: Okay, Mr. Mercer was going to
13 say something then we will come back.

14 MR. MERCER: In reference to the claim dates, I'm
15 looking at a copy of Mr. Taylor's letter dated March 7
16 to Gator Asphalt. Statement of claim, basis of claim,
17 and the elements of extra cost.

18 The time frame goes from 10th of October to 22nd
19 of February. I was the project engineer on this job
20 from the beginning of the project until November 7th.
21 During that period of time -- let me back up a little
22 bit to adjust Mr. Taylor's operation.

23 In my opinion Mr. Taylor had more than adequate
24 equipment in the beginning with the correct -- good
25 equipment, everything there that should have given him

1 good production rate. His well points, he had new or
2 practically brand-new equipment, what appeared to be
3 more than heavy enough or satisfactory to do the job.

4 The problem was that the proctors that we
5 established, you know, what kind of effort at what
6 percent moisture we were using indicated that we needed
7 to have about 13 percent moisture. He was trying to
8 obtain density at around 5 to 6 percent moisture.

9 From the beginning of the project until
10 November 5th this claim covers this period of time. At
11 that point in time there never was an issue of too wet
12 conditions. We were trying to compact the pipe backfill
13 on too dry a material.

14 And until just prior to my leaving my instruction
15 or my information to Mr. Taylor, I explained the proctor
16 curve and that it was available for his use, that we --
17 in order to achieve passing densities we needed to get
18 that moisture somewhere near the peak of that curve.
19 There is a working arrangement of approximately 2 to
20 3 percent either side of the peak of the curve.

21 My advice to him at that time was to bring a water
22 truck in, put moisture to the top of the material he was
23 working. And he explained to me that he could not get a
24 water truck in. Of course, you can't put a truck on top
25 of pipe backfill, but you can use a hose on it.

1 Until the time I left, until about a week before
2 I left when I talked to his pipe foreman, who had never
3 been advised of this, the following day he used a small
4 pump at the location to apply water to the surface
5 material and obtain moisture -- densities.

6 Now why, I don't know. No effort was made to
7 increase the moisture from the top. I told him that to
8 shut the well points off or bring the moisture up from
9 the bottom was not the correct way to do this because
10 he would be back into an original too-wet situation.

11 I don't know what happened after I left. For the
12 claim period through November 7th, it was not too-wet
13 conditions.

14 CHAIRMAN COWGER: Your statement is that between
15 what date, October --

16 MR. MERCER: October 10th is the claim date for
17 some of the daily labor and equipment costs that he's
18 referenced.

19 CHAIRMAN COWGER: And up through November 7th,
20 1988? You're saying that period of time that the
21 problem was that the backfill was too dry?

22 MR. MERCER: That's correct.

23 CHAIRMAN COWGER: And that -- are you saying that
24 during that period of time -- and I'm not meaning to put
25 words in your mouth, but are you saying that during that

1 period of time the contractor never encountered the
2 problem with the backfill adjacent to the pipe, we might
3 say, being so wet that he couldn't compact it?

4 MR. MERCER: That is correct. If we had
5 conditions -- and I believe as part of the submittal
6 package there are pages from the density logbook that
7 will confirm this.

8 Additionally, if there was a -- which often you
9 find even with well points, if there was a slight wet
10 condition in the bottom, you will see that the first or
11 second lift in some cases, there was not a test run on
12 it to allow for that wet condition, get up above it.

13 Even the material going in on top, that was on the
14 side and in the pipe trench, it was too dry to compact.
15 If you beat on it long enough, water has to come in from
16 somewhere.

17 CHAIRMAN COWGER: Mr. Crews, you had something
18 else to say? Were you the project engineer after
19 Mr. Mercer left?

20 MR. CREWS: Yes, sir, I was. I came in in the
21 early week of November. There was -- maybe to clarify
22 the Board's thinking, there was two situations, the
23 first being what Mr. Mercer explained, you had dry
24 conditions, which he had met Mr. Taylor on several
25 occasions for several alternate ways. During the early

1 month of November, it switched. We started having wet
2 conditions.

3 Mr. Taylor had mentioned earlier that he had
4 repeatedly asked once after I had taken over to go to
5 the backfill under wet conditions. I never recalled
6 Mr. Taylor approaching me once asking that, or I don't
7 think Mr. Mercer does either.

8 At no time do I know of that Mr. Taylor ever
9 approached us going to the backfill and wet conditions.
10 It was my suggestion that I would discuss this with the
11 previous resident engineer, J. R. Pinion, and explain to
12 him what was going on to see if we wanted to consider
13 this. This was done early in the month of December.

14 CHAIRMAN COWGER: Mr. Taylor, I believe it would
15 be appropriate to let you come back and discuss this a
16 little bit more.

17 MR. TAYLOR: Like I mentioned before, the picture
18 I showed is what Jim was talking about, is the area
19 that was too dry. I don't dispute that area. Like
20 I mentioned before, we did get our densities passed in
21 there after we brought in pumps and that sort of thing
22 to wet the material down.

23 That same period down the street from there
24 I believe it was just prior to Charlie getting there,
25 you mentioned he started in November. We were talking

1 about toward the end of October, I believe.

2 From The Oaks condominium project I believe it
3 was, apartments, that area there we laid some, I believe
4 it was 30 or 36-inch pipe. When we laid the pipe, the
5 trench was dry.

6 We asked if we couldn't go ahead and put a lift or
7 two in there so we could get our mechanical tamper to be
8 supported to where we could start getting the material
9 tight. We were told, no, we couldn't do that, we had to
10 somehow get rid of the water, which we did.

11 The basis of my claim, there again like
12 I mentioned before, the job started in October. I had
13 difficulties through the job. The basis of my claim
14 is like I mentioned several times, is the denial of
15 backfill under wet conditions.

16 Charlie, like you mentioned, he came in there in
17 November. I had asked him several times. The other
18 engineers and inspectors on the job, and Gator came
19 over. I tried to get them to get the State to let me
20 backfill under wet conditions.

21 At that time Charlie informed me there was no such
22 thing as a water table. I asked him why was the soil
23 samples and the conditions of the soil and water table
24 put for information in the bid documents to bid on the
25 job with. At that time I believe Charlie checked into

1 it and came back at a later date and told me I could go
2 ahead and backfill under wet conditions.

3 So, the heart of my claim is the portion that
4 I had the difficulty on the backfill under wet
5 conditions. That period, which was those few weeks,
6 approximately three weeks or so from November to
7 December the 3rd is the part that I feel I was treated
8 unfairly because I spent all that time trying to get
9 density.

10 Whether it was too dry in one lift on the top
11 or a little bit too moist on the bottom, all those
12 densities and efforts were pushed aside and I was told
13 to backfill under wet conditions and the densities would
14 start at one foot above the pipe. At that point I had
15 no trouble getting densities on the rest of the
16 material.

17 MR. MOORE: Mr. Chairman, could we have Mr. Taylor
18 identify exactly who he asked for wet conditions and
19 approximately when? I would also like to know who in
20 Gator he asked to verify that, please.

21 MR. TAYLOR: Yes, I did ask Charlie Crews to let
22 me backfill under wet conditions. I also mentioned to
23 Ed -- what is his last name --

24 MR. MATHEWS: DeVencinto.

25 MR. TAYLOR: To get with DOT and why could I not

1 use these specifications that are in the DOT book. He
2 also asked at that time -- they told him I was to well
3 point, I had to dry the ditch up in the bottom. If
4 I had to put in two rows of well points or whatever was
5 necessary to dry that first foot of a nine and a half
6 foot cut.

7 The whole idea of the backfill under wet
8 conditions is when you use a standard procedure of well
9 pointing. The bottom line is my argument is you double
10 well point when you have a condition that you cannot lay
11 the pipe in dry conditions.

12 That was not our problem whatsoever. Laying the
13 pipe in dry conditions was no problem. It was the
14 backfill. After three to four hours of it sitting there
15 and the water coming through the hardpan area is where
16 we started having a problem.

17 At that point is when I asked the DOT, look, we
18 laid the pipe in the dry. There's no water whatsoever
19 in the bottom of the trench for the first four hours.
20 Why can't we go ahead and put a couple of lifts in
21 there to where the mechanical tamper, which is in the
22 specifications, is supported and will hold up with the
23 material?

24 If they had let me do that, I would have had no
25 problem. But there again, like I said, after all this

1 argument and going back and forth, they told me that all
2 my efforts weren't necessary anyway, they would start
3 taking densities a foot above the pipe. I feel that
4 they did not make the proper decision in time enough for
5 me to keep from me having to go to great expense.

6 CHAIRMAN COWGER: I think it's time to let
7 Mr. Dougherty go to the next item because we're into the
8 next item of your statement. He got to the bottom of
9 page one.

10 I do have one question before we start. Does
11 DOT dispute the statement that in the areas where you
12 ultimately allowed the contractor to place the material
13 under the wet conditions clause that he was able to
14 place the pipe satisfactorily with the dewatering
15 efforts he was using, disregarding the backfill for the
16 moment?

17 In other words, did he get those areas dried
18 out well enough with the well points that he could
19 satisfactorily place the pipe?

20 MR. CREWS: As far as I was concerned, Mr. Taylor
21 did an excellent job with his pipe. The well points
22 were excellent. His work, laying his pipe was
23 excellent. I have no problem with his company's normal
24 procedures as far as that. The only problems that I had
25 personally was his controlling of his moisture in the

1 backfill.

2 CHAIRMAN COWGER: The reason I asked the
3 question, in looking at the Section 125-8.3.3 of
4 the specifications dealing with backfill under wet
5 conditions, the first sentence says, "Where wet
6 conditions are such that dewatering by normal pumping
7 methods would not be effective, the procedure outlined
8 below may be used."

9 What I'm trying to establish is he used normal
10 dewatering methods and he was able to dewater the trench
11 well enough to install the pipe, correct?

12 MR. CREWS: That is correct.

13 MR. DOUGHERTY: That is correct. That was the
14 last statement we just made in the last rebuttal section
15 we had.

16 Again, just to reiterate, Mr. Taylor began to
17 double space the well points to help increase moisture
18 content without having to dewater. When this was done,
19 the trench went immediately from too dry to too wet,
20 creating a problem. Then we allowed the use of the
21 specification.

22 MR. CREWS: Mr. Chairman, may I comment again.
23 I have laid a majority of some of the pipelines on the
24 interstate and some of the areas of Sarasota County.
25 To my knowledge this is the second project within the

1 Sarasota residency that this specification was allowed
2 to be permitted, in writing, as noted in the diary, as
3 specified in the general specifications.

4 I have no knowledge except the two jobs -- as a
5 matter of fact, just by coincidence there was a second
6 job I was on about eight years prior to that this was
7 allowed to be done in writing.

8 The reason this was done, this was an attempt to
9 allow the progress of the job to continue. We was at a
10 stalemate. We was getting an adequate pipeline. The
11 pipeline was laid through the grade. There was no
12 problem laying it.

13 It is my contention the moisture was not
14 adequately controlled by the contractor. In an effort
15 to continue with job progress, I discussed it with my
16 supervisor Joe Pinion and at length before we made the
17 stipulation to allow the backfilling.

18 Again, Mr. Taylor indicates he discussed this
19 with me. I deny that. I don't recall at all -- in my
20 original analysis of the claim, I met with Mr. Taylor on
21 December 1st, with the presence of Mr. Ed DeVencinto,
22 which was Gator's representative on the project.
23 I suggested to him several alternate procedures. One
24 of those procedures was to allow the backfill under wet
25 conditions.

6
1 I told him I would discuss this if he wanted to go
2 to this method, with my supervisor. If Mr. Pinion -- if
3 I could convince him this was valid, we would sample the
4 material to confirm that yes, it did meet the A-3
5 specification. I informed Mr. Taylor very emphatically
6 that until I'm sure this is A-3, we're not going to.

7 After this was done, we core sampled, we had
8 verification that yes, it was A-3. After that, a letter
9 was written, and Mr. Pinion signed that letter, to allow
10 this.

11 MR. DOUGHERTY: He just covered my next point.

12 CHAIRMAN COWGER: Going to the top of page two,
13 are you saying that's been covered?

14 MR. DOUGHERTY: Yes, sir. Dealing with applying
15 the A-3 materials. We agree there was A-3 materials
16 in there, just want to make it clear, our standard
17 specifications states, "When the plans contain the
18 results of a soil survey, such data is not to be
19 construed as a guarantee of the depth, extent or
20 character of the material present."

21 Okay, to carry on, "Mr. Taylor also states that
22 FDOT demanded that Taylor achieve 100 percent density at
23 optimum moisture of the backfill material.

24 "Compaction to 100 percent is the established
25 proctor to be required by the standard specifications

1 and should never be questioned.

2 "FDOT required Mr. Taylor to saturate the backfill
3 material to obtain optimal moisture." Sorry, "never" --
4 I left that word out, "never" -- FDOT merely pointed out
5 an obvious problem to Mr. Taylor by suggesting that the
6 moisture content was too low."

7 Again, I think Mr. Taylor says this is outside
8 the limits of the claim, but it was in the claim letter
9 we got nonetheless.

10 "Mr. Taylor also states the various size pipes
11 were installed in the dry, in some cases, and well
12 points were utilized in other areas in order to install
13 the pipe in the dry.

14 "Mr. Taylor has not indicated that he encountered
15 ground conditions that were too wet to dewater, instead
16 he installed pipes in dry trenches. He continues that
17 the required use of mechanical tampers brought the
18 moisture up from below and from the adjacent soils,
19 rendering the backfill unstable.

20 "Mr. Taylor, in a meeting of March 13, 1989,
21 stated he had several hundred feet of open pipe trench
22 to compact. Without a good dewatering system in effect
23 on long pipe trenches, groundwater will equalize itself
24 and any compaction efforts will accelerate the process.
25 Mr. Taylor's claim indicates that he did not install

1 well points until after the backfill had reached a
2 saturation point that prevented further productive
3 efforts.

4 "Mr. Taylor claims that FDOT required the use of
5 mechanical tampers to compact all the backfill material
6 in the trenches.

7 "The standard specifications require the use
8 of appropriate equipment for compaction as stated in
9 Section 125-8.3.2.

10 "Mr. Taylor claims that compaction efforts brought
11 up moisture from below and from adjacent soils rendering
12 the backfill so unstable that the mechanical compactors
13 would occasionally have to be dug out of the fill.

14 "This statement is true. However, the moisture
15 was brought to the top by the excessive number of passes
16 made by the tamps." That's the end of our rebuttal.

17 I would like to point out in these charts of
18 the material or the densities taken that the optimum
19 moisture, though not present in some lifts, densities,
20 passing densities were still obtained. And that I see
21 no standard pattern for failing or passing depending
22 upon the moisture density of the material itself.

23 Just one of those interesting things that
24 evidently the process used to obtain compaction was
25 not adequate in some of the cases.

1 CHAIRMAN COWGER: Mr. Taylor, I think it would
2 be appropriate to allow you to make any additional
3 statements that you would like to at this point.

4 MR. TAYLOR: There again, I continue to relate
5 back to the fact that the areas that were dry I'm not
6 considering that as part of my claim. We had dry spots
7 and wet spots.

8 My basic claim is the fact he did not allow me
9 to use a specification that could have been allowed and
10 I did ask for it on several occasions. Gator Asphalt
11 asked for it on several occasions.

12 Like I said, there were several changes of
13 engineers and inspectors on the job. Whether one didn't
14 know what the other one was doing prior to that, I have
15 no control over that.

16 But the fact is like I mentioned, the gentlemen
17 said the procedure we use for compaction, I actually
18 had the people from Wisconsin, which we had some of our
19 compactors from, trying to think of the name of them --
20 one of the representatives from the compaction company.

21 They came down. We told them we were having a
22 problem, why we could not achieve the densities, because
23 the machine was far exceeding what we should have to
24 have for that type of density.

25 We had another contractor in the same area that

1 was laying a force main right alongside our trench,
2 almost in the same trench we did. And he had his
3 testing done also, and he walked right by us where our
4 trench was, had no failures at all. I was there again
5 saying how come he had no problems and we had such
6 problems. I still haven't figured that out.

7 As far as the proper equipment, you can see the
8 roller we put in there. There again, I questioned the
9 fact that if you take a jumping jack compactor and try
10 for days to get compaction and you get 98, 99, you bring
11 a 16-ton roller in there and the test results show it
12 made no difference, I find that very hard to believe and
13 the pictures will point that out.

14 There again like I mentioned earlier, Charlie told
15 me there was no such thing as a water table. I did ask
16 him about backfilling the wet conditions, whether he
17 recalls it or not.

18 Also, in our meeting in December, at the time
19 Charlie opened up his testing records, on one section of
20 pipe, which shows the big 16-ton roller, there had to be
21 approximately four and a half foot of fill put in above
22 the top of my pipe to complete the roadway.

23 Mr. Crews at that time stated, you know, there
24 are no passing densities in this area. I told him that
25 I was told that we could use the backfill under wet

1 conditions and the densities would be taken a foot above
2 the pipe. To my knowledge to this day there are still
3 no passing densities to the top of that pipe and it's
4 all been put in.

5 I spent a week and a half or so trying to obtain
6 densities on that run of pipe, also, which was, there
7 again, they put four and a half foot of fill on top of
8 that pipe and took their densities on that.

9 The top of my pipe, when I dug my trench, was just
10 about ground level. Then they placed another four and a
11 half foot of fill.

12 In December Charlie was not aware that any of that
13 area had been passed, from any of his records.

14 There again, like I say, I spent several days,
15 about a week and a half trying to get densities in that
16 area. The State acknowledged the fact that we did have
17 to do some extensive density behind a curb line.

18 Charlie did come up to me and say, "Bob, you've
19 been working on this for a couple of days that I know
20 of, why are you getting densities here, they aren't
21 required?"

22 I told Charlie at that time, "I was told by the
23 inspector I had to have densities because it was close
24 enough to the curb to require it."

25 Charlie told me at that point to forget about it,

1 it wasn't necessary. So, they acknowledge that factor.
2 I think in the documentation it's 16 foot of pipe. They
3 offered, I think, it was \$1400. It figures out to be
4 approximately \$91 a foot.

5 If you take the total amount of pipe that I had
6 problems with on my densities on the backfill, the wet
7 conditions, multiply that times the \$91 and whatever
8 cents it was, it's over \$200,000.

9 There again, I explained I tried to keep my claim
10 down as much as possible and eliminate some of the
11 areas. I didn't even put that in my claim, to try to
12 keep it below the \$100,000.

13 MR. DOUGHERTY: Mr. Chairman, I would like to
14 offer one thing, too. I hear a very definite difference
15 of opinion between Mr. Taylor and Mr. Crews as to
16 whether or not he was alerted to asking for water --
17 you know, for backfill under wet conditions. I can't
18 account for that. I'm not going to try to point a
19 finger or do any discussion on that part.

20 The interesting part that was brought to me, even
21 though all these discussions were made and requests were
22 offered, none of them was ever offered in writing nor
23 in person to our resident engineer or to my office.
24 Therefore, you know, requiring a written reply of any
25 kind.

1 Certainly I would think if I was denied what
2 I thought was my right, I would put it in writing
3 somewhere. Mr. Taylor -- I know he knows how to write
4 letters. So, interesting point.

5 A while back we had some discussion going and
6 Mr. Tollefsen wanted some time, if I may let him
7 discuss --

8 MR. CREWS: He's going to say the same thing
9 you're saying. May I just add to Mr. Dougherty's
10 subject. I wanted to point out clearly that in any
11 discussion I had with Mr. Taylor, I made every attempt
12 to include Gator Asphalt's foreman, superintendent on
13 the job. Any conversation I had with Mr. Taylor,
14 Mr. DeVencinto was present. Gator Asphalt, the prime
15 contractor, was totally aware of everything that took
16 place. Okay.

17 MR. DOUGHERTY: May we recognize Mr. Tollefsen?

18 MR. TOLLEFSEN: I try to be quiet down here, but
19 I have one point I want to make. I had the advantage
20 of being on the project through this entire period.

21 Towards the beginning when there was some question
22 about there being water, in spite of the fact that he
23 said there were parts that were too dry, on several
24 occasions, though it's the contractor's responsibility
25 to be aware of the specifications and what he may or

1 may not request, I on several occasions suggested
2 directly to Mr. Taylor that he go to the project
3 engineer and specifically request that he invoke that
4 paragraph.

5 I did everything but, you know, insist that he
6 do it. I suggested it to him a number of times. He
7 never made that request until finally he made it of
8 Charlie Crews and it was granted almost immediately.

9 Up until that point --

10 MR. CREWS: At the December meeting.

11 CHAIRMAN COWGER: I know that request was not made
12 and I suggested that it be made, but that was not my
13 responsibility. I just had to say that.

14 MR. DOUGHERTY: We have differing opinions between
15 the sides.

16 CHAIRMAN COWGER: You made that suggestion to
17 Mr. Taylor, in accordance with your testimony, some
18 several weeks, might we say, before DOT approved the use
19 of Section 12 -- Section 125-8.3.3?

20 MR. TOLLEFSEN: I suggested he go to the project
21 engineer since I don't have the authority to grant him
22 that. I suggested to him on several occasions that he
23 go to the project engineer with his request in writing,
24 which is what that paragraph states.

25 I showed him my spec book. I said, "Here's what

1 it says, here's what you can do. It doesn't mean they
2 will grant it, but you may request it." He didn't do it
3 until he asked Mr. Crews. Then I believe it was granted
4 almost immediately.

5 CHAIRMAN COWGER: Mr. Taylor, what do you have to
6 say about that?

7 MR. TAYLOR: I say that's absolutely incorrect.
8 I did ask several times. I mentioned it to Mr. Hobbs.
9 Every engineer, like I mentioned, Mr. Mathews mentioned
10 from Gator, there were several different changes on that
11 job. I verbally asked all the people there to invoke
12 that specification. I did not put it in writing.

13 The meeting of, I believe it was June 21st, we
14 had the Federal Highway Administration, the people from
15 Tallahassee, Mr. Blanchard, and we met in Sarasota.
16 They told me that my claim was invalid because I did not
17 put in writing that I intended to file a claim.

18 And at that time Mr. Blanchard stated that that
19 particular specification did not apply because I was not
20 asking for additional monies for work, I was asking for
21 additional monies the delay caused me. So, that's my --
22 my letters after that show that that's what the claim
23 consisted of.

24 MR. MOORE: May I make a very crucial point.
25 It's the DOT's stand that dewatering under wet

1 conditions on this job was never required. The
2 dewatering efforts by the contractor did, in fact, dry
3 out the ditch. There was not standing water as was
4 required by the specifications. Dewatering effort
5 showed continuously throughout the project that it was
6 dewatering the ditch so he could, in fact, put his pipe
7 in.

8 As I understand from the old resident, the
9 specification was allowed simply to progress the
10 project. We felt there was a compromise we needed to
11 make on the specifications in order to get the project
12 done in a timely manner.

13 I think the records that we have presented in
14 this package more than adequately point out the fact
15 that the majority of the soils were, in fact, under dry
16 conditions, not under wet conditions, and a compactive
17 effort was failing because of that particular item, not
18 because of the moisture. The moisture was coming from
19 the excessive tamping, the tamping of the soils
20 underneath the pipe. It was coming up through the soils
21 and causing failures of density tests.

22 CHAIRMAN COWGER: Mr. Crews?

23 MR. CREWS: Mr. Chairman, Mr. Taylor had mentioned
24 earlier in one of the statements about one particular
25 run of pipe where he was required to get densities or

1 densities were not required.

2 In this one run of pipe, Mr. Taylor correct me
3 if I'm wrong, it's an area of a curb inlet that crosses
4 an area outside of the normal traveled roadway, which
5 you would normally not require densities. The only
6 requirements is that any time that pipeline is in a
7 two-to-one slope you do have to require densities in
8 accordance with the specifications.

9 Mr. Tollefsen, if I'm not correct, please correct
10 me. Mr. Tollefsen did, in fact, mark the pipeline with
11 keel, where Mr. Taylor's foreman knew where density was
12 required. We made a recommendation to concede that run
13 of pipe in an effort to settle some monetary value.

14 It's still my contention there was density
15 required in this run of pipe only in an area of five to
16 six feet of an area. Outside of the slope I concede we
17 did not require density. The only recommendation we
18 made was to help in some way show that we did make an
19 attempt to go back and try to evaluate the entire claim.

20 CHAIRMAN COWGER: Let me ask a question at this
21 point. It's been stated and admitted by DOT that there
22 were some sections of pipe where 125-8.3.3 was invoked.
23 Therefore, density was not required from the bottom of
24 the pipe trench up to a foot or so above the top of the
25 pipe.

1 How much pipe was -- did this involve? In other
2 words, can you tell me approximately how many lineal
3 feet of pipe you did not require density for?

4 MR. CREWS: Estimating -- and Mr. Taylor correct
5 me -- 800 feet.

6 MR. TAYLOR: Closer to 1200.

7 MR. CREWS: When the backfill under wet conditions
8 was invoked, Mr. Taylor, I, Mr. DeVencinto, and the
9 project inspector O. A. Whightsel met out there to try
10 to determine a water table factor.

11 Mr. Taylor pointed out in the plans there is a
12 designation at the time the soil survey is made, the
13 water table that was there -- I advised Mr. Taylor we
14 would go down to that point and determine at that time
15 if it was feasible to go any deeper or come up. But we
16 would take and use this elevation as pointed out in the
17 plans just as the location of the water table at the
18 time the soil survey is made.

19 Now, this was -- we went back in those areas, the
20 800 feet or so, to utilize that to determine where to
21 start density. Is that correct, Mr. Taylor?

22 MR. TAYLOR: Yes. And I also pulled well points
23 behind myself. And after, I think it set maybe four or
24 five days we did go back there around the structure
25 right in front of Storer Cable Television's office and

1 it did verify the fact that the water table was within
2 a few inches of approximately elevation 26, which
3 represented the top of the pipe.

4 CHAIRMAN COWGER: So really what you're saying
5 is you established the approximate water table as the
6 elevation below which you were not going to require
7 density through this 800 to 1200 feet of pipe, whatever
8 it maybe be?

9 MR. CREWS: We determined once we had an elevation
10 we would dig down or go up and down from there.

11 CHAIRMAN COWGER: I understand. Can you tell me
12 approximately how many total feet of trunk line pipe
13 there was on this job?

14 MR. TAYLOR: 9,000. This particular area, sir, is
15 the area that was the deepest, the biggest size pipe and
16 the most costly to install. Nine and a half foot cut.

17 CHAIRMAN COWGER: I kept hearing testimony about
18 excessive tamping. This is confusing me. I assume
19 we're talking about the area of the 800 to 1200 feet now
20 that we have narrowed the discussion down to at this
21 point at least.

22 And it's admitted that the contractor dewatered
23 the trench well enough to get the pipe installed in a
24 satisfactory manner, and that he did encounter problems
25 in backfilling in this area due to excessive moisture,

1 apparently being what I would call pumped up from below
2 or from the sides of the trench by the tamping
3 operation.

4 Now, DOT, why do you feel that the contractor
5 exerted excessive tamping effort when he was setting out
6 to try to get density, wasn't he, at that point?

7 MR. CREWS: May I say something?

8 CHAIRMAN COWGER: Sure.

9 MR. CREWS: It's our contention that the normal
10 backfilling method from the previous past, if you have
11 some good materials, you have a valid proctor, three to
12 four passes with a tamp is adequate to obtain density.

13 Mr. Taylor met with myself and Mr. Glenn Ivey,
14 which is in our district office at one point.

15 Mr. Taylor had used the term on one occasion, he had
16 run anywhere from 20 to 30 passes in some areas.

17 It's my contention those passes were in excess.
18 I feel if Mr. Taylor had run three to four to five
19 passes and then come up with hey, I can't get density,
20 we've got a problem. I think he indicated he run
21 numerous passes. I still contend that the numerous
22 passes are what created Mr. Taylor's problem.

23 CHAIRMAN COWGER: Was there ever any attempt to
24 run a density test when, say, five passes had been made?

25 MR. CREWS: Mr. Tollefsen?

1 MR. TOLLEFSEN: Well, for one thing, we ran into
2 this problem with some excessive water where that was at
3 the point where he started pulling off some of his well
4 points, he was not dewatering to the extent he had been
5 at the beginning, number one.

6 And as far as -- maybe I don't --

7 MR. CREWS: The question was any time he had run
8 four or five passes, did you take a density to find
9 out --

10 MR. TOLLEFSEN: I took a density whenever one was
11 requested. When they feel that they've done an adequate
12 job of compaction, then they ask for a density, then
13 I take one.

14 I think what happened here is they shut down and
15 deleted well points in an attempt to bring water up or
16 to look for wet conditions at this stage of the game.
17 And if you pull the well points and make excessive
18 passes, you're going to soak up the water just like a
19 sponge.

20 MR. CREWS: I think the Department is not under
21 the responsibility to tell the contractor when to take
22 density. The contractor is in totally control of his
23 work. At no time do I or one of my inspectors want to
24 infringe on that right.

25 CHAIRMAN COWGER: Mr. Taylor, what do you have to

1 say about this issue of excessive tamping?

2 MR. TAYLOR: There again the only time the
3 so-called excessive tamping took place is like
4 I mentioned before, is the areas where we were getting
5 99.3 in and they said the densities weren't passing. At
6 that time we tried a couple more passes, a couple more
7 passes. We took tests every so many passes.

8 Yes, we did have 20 runs in there, but it wasn't
9 excessive moisture. The pictures will show very clearly
10 that the first time we cranked the compactor up, it sank
11 right into the lower part of the fill, right at the
12 bottom of the pipe. When we installed the pipe, the
13 trench was dry. When you put a jumping jack in there
14 and crank it up, it didn't take but a couple of jumps.
15 You can see in the picture the compactor did sink into
16 the fill.

17 I asked if we couldn't put a couple of feet of
18 fill in there to try to get above that water table to
19 where the mechanical tamper could be stabilized, but,
20 no, sir, we did not do excessive tamping to bring any
21 water up. That is incorrect.

22 MR. TOLLEFSEN: I think if you look back in your
23 record you will see several times that Mr. Taylor has
24 mentioned that the well points dried up the hole and
25 within four hours water came into it. I think he

1 mentioned four hours several times.

2 There's no need, with my little experience, to
3 wait for four hours before you put those first two lifts
4 in. In the normal procedure, if you know your well
5 points, that the water is going to intrude, that you get
6 those lifts in there as soon as possible. Waiting four
7 hours is waiting for water, especially when you start
8 pulling the well points.

9 They well pointed, laid it in a dry ditch, yanked
10 off the well points so the water didn't come in. To
11 wait four hours to put the dirt in --

12 CHAIRMAN COWGER: What you're saying is that the
13 contractor waited for four hours after he turned the
14 well points off to start trying to backfill?

15 MR. TOLLEFSEN: That's what he said, that they
16 laid the pipe in a dry ditch and then four hours later
17 water started coming in, couldn't they then lay it under
18 wet conditions.

19 CHAIRMAN COWGER: Mr. Taylor, go ahead.

20 MR. TAYLOR: There again, like I said, if three
21 and four hours that I mentioned was a factor that we
22 started out in a structure, 48-inch pipe, nine and a
23 half foot in the ground, you're not going to blaze
24 through and put 300 foot in the ground in three or four
25 hours. It's big pipe, nine and a half foot deep. It

1 takes time.

2 You can't start taking densities with one or two
3 joints of pipe in the ground. We tried to get six or
4 eight joints in the ground to have an area we could run
5 a compactor back and forth instead of trying to run it
6 back and forth on four to eight feet.

7 It takes easily a 48-inch pipe nine and a half
8 foot in the ground minimum three to four hours before
9 you can get some working room to work with to start
10 taking your density.

11 CHAIRMAN COWGER: I think we understand that.
12 I think what we need to know though, were the well
13 points turned off before you started to try to compact?

14 MR. TAYLOR: No, sir, they were not. I had my
15 well points on at all times. The only time I shut my
16 well points off was like I mentioned earlier where I was
17 down in an area where it was excessively dry, like in
18 the orange groves. I cut that off to try to bring some
19 water up to pull the moisture down through the material.
20 That's the only time I did that. On the areas that
21 I asked for backfill under wet conditions, the well
22 points were not put in, were run and shut off at any
23 time.

24 CHAIRMAN COWGER: DOT, do you wish to make a
25 statement about what Mr. Taylor just said?

1 MR. DOUGHERTY: From what I hear, I think we have
2 discussed this point several times already.

3 CHAIRMAN COWGER: The key point here is were the
4 well points shut off prior to completion of the backfill
5 up to the top of the pipe?

6 MR. DOUGHERTY: All I can do is ask my inspector.

7 MR. TOLLEFSEN: I didn't suggest they be shut off.
8 He had started pulling some well points. At first he
9 had well points at least at every other connection. If
10 there is a connection so many feet. He had reduced the
11 number of well points. As far as shutting off, I'm not
12 suggesting that he shut the system down completely but
13 he reduced the dewatering which had been affected before
14 and it was reduced.

15 MR. CREWS: Mr. Chairman, may I include in your
16 package that we have prepared for you, there are several
17 copies of the density charts, one of them in particular
18 is very strange, where there was approximately seven
19 densities taken on one lift. The moisture chart is so
20 erratic that it's my indication here that the moisture
21 content was not adequately controlled. For instance,
22 almost at the same location --

23 MR. DOUGHERTY: Which chart are you looking at?

24 MR. CREWS: Next to the last page.

25 CHAIRMAN COWGER: This is in Exhibit No. 2,

1 correct?

2 MR. CREWS: Yes, sir. The page number is 78-A.

3 It's the third page from the last.

4 CHAIRMAN COWGER: Got it.

5 MR. CREWS: For instance, that lift three, the
6 fourth column from the left going right, it's lift three
7 of 13. You have your chart moisture, which shows 8.7
8 percent. You go right on down that chart. You can look
9 at it, lift three of 13, got 11.8, got 14.8, 14.4.

10 You go on down the chart and it's hard to
11 visualize that you have a lower moisture at the very
12 bottom and then for some reason the moisture comes up.
13 It's my indication that the water was continually being
14 brought up by that tamp or his method of backfilling.

15 It is my contention -- now again I made several
16 suggestions to Mr. Taylor on his backfill method, I'm
17 not trying to control his work. In some cases he would
18 let materials consolidate or again like Mr. Phillips had
19 mentioned, start backfilling procedures immediately and
20 come on up.

21 I think from past experience in there, once you
22 get two feet above your water table your density is no
23 problem, but the two feet above the water table is the
24 main contention.

25 CHAIRMAN COWGER: In this case the water table was

1 up several feet above the bottom of the pipe trench?

2 MR. CREWS: The lift three would be only three
3 feet above the pipe trench. And this particular one
4 here, the first two lifts Mr. Mercer mentioned, we
5 allowed it because of the extreme wet condition down
6 there to go a little higher before we got started.

7 CHAIRMAN COWGER: I think we have probably heard
8 about enough. We have reduced this thing to arguing.
9 I have one question, though.

10 Mr. Taylor, would you take your, what we have
11 identified as Exhibit No. 1, which is your original
12 request for arbitration, and go back through that
13 package, a little over eighth of an inch, and we find a
14 letter dated March 7, 1989, from your company to Gator.
15 Let's see if we can all get to that point.

16 This package is the one that I think pretty well
17 covers the amount of money that your claim is for. Have
18 you found that yet?

19 MR. TAYLOR: Yes, sir.

20 CHAIRMAN COWGER: Let's go back through that. The
21 statement of claim. It's about three pages. Then we
22 come to a sheet that shows the total amount of claim,
23 89,665.

24 Then the next page is where you developed your
25 total direct equipment and labor costs, which I think

1 are the numbers that are carried over to the previous
2 page, keeping in mind that \$54,000 at the bottom is a
3 sum of the equipment and labor, and on the previous page
4 you've got the labor and equipment broken down.

5 It appears to me without going through the trouble
6 of adding it up, it appears to me if you add from the
7 previous page direct labor costs, direct equipment costs
8 you're going to get the 54,550.

9 Now, the question I have, looking at the dates,
10 on that sheet titled daily labor and equipment cost,
11 I see dates going back as early as October 15th, and
12 correct me if I'm wrong, the latest one I see is
13 December 3.

14 Now those dates are -- don't coincide with the
15 dates we have been talking about earlier. We have been
16 talking about a period of time between November 10th,
17 plus or minus, through December the 3rd. Yet some of
18 these costs that you have developed here are prior to
19 that time. Can you explain that to us?

20 MR. TAYLOR: Well like I mentioned, this was an
21 overall picture of the entire job in certain areas.
22 Some areas were dry, some were wet. The backfill under
23 wet conditions, like I mentioned, we had the majority of
24 our problem in front of Storer Cable TV, in that area
25 there, which was around the first part of November.

1 The October area of the claim is areas other than
2 that that we had problems from time to time. Some was
3 dry and some were wet. The backfill and wet conditions
4 basic claim is in the November area in front of Storer
5 Cable. The October is just different areas prior to
6 that.

7 CHAIRMAN COWGER: In developing this page again,
8 were these total costs on those days? Is that what you
9 have developed, your total equipment and total labor
10 costs for those particular days?

11 MR. TAYLOR: Yes, sir, I believe that's what it
12 is.

13 CHAIRMAN COWGER: Okay.

14 MR. MERCER: Mr. Chairman, again, I would point
15 out that the documents that are in this package will
16 support that the periods during October to the first of
17 November show that there were not wet conditions on the
18 project.

19 CHAIRMAN COWGER: I think we have got that in the
20 testimony somewhere. That's the reason for asking these
21 questions. These dates in here span back prior to that
22 time that you just mentioned. I think we are about
23 ready to close out. I will warn you. Does anybody have
24 anything real compelling that they wish to say?

25 MR. CREWS: If I may, one more, Mr. Chairman, the

1 first large sheet of our preparations I had went through
2 and tried to go back to the 13th of October. And from
3 the density logbook, I arrived at the number of tests
4 that was taken, the number passed, the number that
5 failed.

6 Also, I worked up a chart for those first three
7 or four pages showing that some of the problem with the
8 density compaction tests, it's still my contention that
9 the density problems on this project were not created
10 because of the lack of DOT measuring the wet conditions.
11 I feel it's -- the Taylor Pipe Company did not
12 adequately control their operations to continue job
13 progress.

14 CHAIRMAN COWGER: Mr. Taylor?

15 MR. TAYLOR: There again, we have a difference of
16 opinion here. My feelings are just the opposite of
17 what Charlie said, is that they did not adequately in a
18 timely manner and efficiently make proper decisions at
19 the proper time to keep the contractor from having to
20 spend excessive amounts of money and time on areas that
21 supposedly weren't necessary.

22 There again, as far as the compaction, with the
23 compactor and whatnot, I agree with Charlie. If we had
24 been allowed to get that first or second lift to come up
25 to get out of the wet moisture, we may not have had near

1 the problems.

2 But that is my main contention, that that decision
3 was not made to backfill under wet conditions which
4 allows you to take T-tamps and haunch in around your
5 pipe, put a foot of fill in, hand tamp it with a square
6 tamper by hand, until you get to where the ground
7 stabilizes enough to support a mechanical tamper, which
8 it does state in the specification. If that was
9 permitted, we may not have had near the problem.

10 The reason this trench was left open for so many
11 days and weeks is the fact that they would not allow
12 us to use backfill under wet conditions and the first
13 couple of lifts failed. They said if the first two
14 failed, the rest don't mean anything. So here I'm stuck
15 with an open trench waiting for days to try to figure
16 out how to control this backfill under wet conditions.

17 So, I don't feel like it's the contractor's
18 responsibility when I asked several times for them
19 not to make a decision, say, well, like Charlie did,
20 December the 3rd, said Bob, go ahead and backfill it to
21 where your compactor will stabilize and you can use it.

22 At that point I virtually didn't have very much
23 problems. It was after that that Charlie stated, and
24 that I agree with him, that we didn't have much too much
25 problems.

1 CHAIRMAN COWGER: Can I ask one question and then
2 I'm going to ask Mr. Carlile ask one.

3 I keep hearing this one section that occurred over
4 about a three-week period, 800 to 1200 feet of pipe.
5 The larger size pipe or virtually the larger size pipe,
6 apparently the deepest cut on the project.

7 DOT, tell me if you can, was there anything
8 different about the soil conditions or the depth of the
9 pipe that caused this particular area of the project to
10 be unique?

11 MR. DOUGHERTY: I will have to defer to my project
12 engineer.

13 MR. CREWS: No, sir, not that I'm aware of. The
14 normal situation, which I agree with Mr. Taylor, was
15 good material as far as backfilling conditions. One of
16 the things I think Mr. Taylor had mentioned, you have a
17 run of pipe, the longer you leave it open, the more of a
18 problem you have obtaining density. The quicker you can
19 compact it, the better off you are.

20 Mr. Taylor had mentioned that after this was
21 resolved, all his problems went away.

22 I tried to isolate the claim period as I had
23 analyzed it for those dates from October through
24 December. There was problems before that period. There
25 was continual problems after that period.

1 Some similar, same thing. The lead inspector on
2 the job, O. A. Whightsel, can verify there was continual
3 problems, although not as large.

4 CHAIRMAN COWGER: From the date December the 3rd
5 that you authorized backfilling of wet conditions, was
6 that applicable only to this 800 to 1200 feet or was it
7 applicable at any point in time from that date forward?

8 MR. CREWS: We allowed him as long as he was able
9 to verify the material that was in place that existed,
10 the A-3 material, providing he dewatered under the
11 normal conditions, to allow the pipe to be set.

12 CHAIRMAN COWGER: There were places on the
13 project, other than the 800 to 1200 feet, where the
14 placing of material under the wet conditions clause was
15 allowed, but those are not the subject of the claim
16 because those were done after you made the decision?
17 Okay.

18 MR. CREWS: Is that correct?

19 MR. TOLLEFSEN: There were no problems after you
20 made that decision because essentially no density was
21 required.

22 MR. CREWS: Still had the same problems under wet
23 conditions after, that we were allowed to go to that,
24 there were still some existing problems after.

25 MR. TOLLEFSEN: There were some areas in which

1 Mr. Taylor refused to try dewatering at all. That was
2 up near Honore Avenue.

3 As a matter of fact, I think you were involved
4 in that, on some of that diagonal pipe coming onto
5 Fruitville Road, where Mr. Taylor refused to try any
6 dewatering because he felt having been allowed to invoke
7 the backfill under wet conditions paragraph that
8 dewatering was not his responsibility.

9 CHAIRMAN COWGER: I'm not sure that's pertinent at
10 this point in time because it's beyond the point in time
11 of dispute.

12 MR. TAYLOR: I want to bring up a major factor in
13 the claim. When we had a final meeting, I think it was
14 in December to try to resolve this, like was mentioned
15 several times, we tried three to four times to try to
16 resolve this claim. Charlie mentioned that it was
17 important to try to get your testing procedure
18 established on these first few lifts so that you could
19 continue your work.

20 It was brought up several times to the resident
21 engineer that at certain times I was denied test
22 results. All they told me is if the tests pass or fail
23 and I confronted the engineer on the job and told him
24 that this was unsatisfactory, intolerable, and I wanted
25 to know how could I control my moisture content, they

1 wouldn't tell me the speed that they used on the job.

2 I would try to see approximately what the moisture
3 content was because I knew what the optimal was supposed
4 to be and the inspector would deliberately take that
5 speed machine, put the dial in the box with the dial
6 facing away from me where I could not tell what the
7 moisture content was and get a fixed pattern, whether
8 I need to add water, is it too wet or too dry. I was
9 denied that.

10 A few days later I was told -- first of all he
11 told me that they didn't have to tell me the results.
12 All he had to do was say passed or not. A few days
13 later they came back, apologized that they wouldn't give
14 me that information, which helped me out later on.

15 CHAIRMAN COWGER: DOT, what do you have to say
16 about that? Can anybody verify or rebut that?

17 MR. CREWS: I can't. Jan, can you elaborate on
18 that?

19 MR. TOLLEFSEN: I never denied anybody results.
20 As a as a matter of fact --

21 MR. TAYLOR: It was --

22 CHAIRMAN COWGER: Wait a minute. No side
23 comments.

24 MR. TOLLEFSEN: I think there was a period there
25 when I don't even recall who it was that suggested that

1 we weren't required to give them compact results. As a
2 general practice, if the contractor requests it, I even
3 write the results down for him.

4 CHAIRMAN COWGER: Were you density inspector?

5 MR. TOLLEFSEN: Yes, sir.

6 CHAIRMAN COWGER: All the time?

7 MR. TOLLEFSEN: Yes. I have no incentive not to
8 give the contractor the results. I have the incentive
9 to give him the results because it makes it easier.

10 MR. MERCER: There was some period where --

11 MR. TOLLEFSEN: I wasn't there during some
12 periods, I was on vacation.

13 CHAIRMAN COWGER: Did I hear some testimony that
14 said there was some discussion within DOT personnel that
15 said that you didn't have to give these results to the
16 contractor?

15

17 MR. TOLLEFSEN: I think I just said that at one
18 point when it was -- there was a point where things were
19 becoming very abrasive. As a matter of fact, there was
20 a point where I refused to take any more densities
21 because of harassment.

22 At some point along the way, and I don't think
23 it was ever -- we ever did it, but I was told that we
24 weren't required to give them specific information as to
25 how we did our calculations, we were only required to

1 tell them is the moisture too high, is the moisture too
2 low. We weren't required to go out of our way to give
3 specific results. As far as I can recall, I always did.

4 CHAIRMAN COWGER: We've heard enough on that.
5 Mr. Taylor, did you have something?

6 MR. TAYLOR: Yes, Dave Davison approached him
7 on this matter and told him we were having an extreme
8 problem to get densities and I expected full cooperation
9 from the inspector. And Mr. Davison --

10 MR. MATHEWS: Mr. Chairman, Mr. Davison was the
11 fourth project engineer on the project.

12 MR. TAYLOR: At that time he told me that all the
13 inspector has to do is tell you if it passed or failed.
14 He doesn't even have to tell you what the moisture
15 content is. If you want to know what the answer is, you
16 go out and buy your own speedy machine and take your own
17 test. That's what I was told.

18 I tried to read the gauge before he would get it
19 in the box, but he would shove it in the box with the
20 gauge covered. I found it very difficult to try to
21 control my moisture content to determine how I'm going
22 to pass my test.

23 CHAIRMAN COWGER: Mr. Moore, I'm going to let
24 you say something and we're not going to discuss that
25 anymore.

1 MR. MOORE: Mr. Davison was the project engineer
2 far after this claim was on the table. Circumstances
3 that were surrounding that particular conversation were
4 quite stressed. It doesn't have anything to do with
5 this particular claim, it's not within the dates.

6 CHAIRMAN COWGER: Mr. Carlile, you started to ask
7 a question.

8 MR. CARLILE: I want to go back to the issue just
9 to clarify something in my mind. If I understand it
10 correctly, during initial operations there was no
11 problem with too much water in the trench? That was not
12 a problem?

13 MR. TAYLOR: No.

14 MR. CARLILE: Eventually it became sufficiently
15 wet, they went to the wet conditions process. Nobody
16 has mentioned the weather. Was there any change in the
17 weather, the water table that affected that? The only
18 thing that could have affected the water in the trench
19 would have been the construction operation?

20 MR. TAYLOR: Yes, sir, the open -- the extended
21 time. Mr. Crews mentioned if we were allowed to apply
22 that backfill in wet conditions, get two feet in pretty
23 quickly, we could have been gone.

24 MR. CARLILE: The initial problem with the density
25 was not having enough moisture to reach optimum density?

1 MR. TAYLOR: Not under the claim. On the other
2 end of the job, it was too dry.

3 MR. CREWS: This is prior to the claim now. When
4 Mr. Mercer was there, it was dry conditions.

5 MR. MERCER: This claim period does cover it,
6 though.

7 MR. CARLILE: Is it in the same area of pipe we
8 are talking about?

9 MR. TAYLOR: Date-wise it is, but like
10 I mentioned, they keep bringing up the fact we had a
11 dry area because my claim is based on backfill under wet
12 conditions. The date from October to December 3rd is in
13 there. There are some days in there that were too dry.

14 But as far as the basis of my entire claim, those
15 dry days I can concede to and say yes we did have a
16 problem but we eventually did get our densities. Our
17 major claim is we were not allowed the use the backfill
18 under wet conditions.

19 MR. CARLILE: The wet conditions were caused
20 by a combination of the well point system or what you
21 consider excessive compaction effort?

22 MR. TAYLOR: It was the fact that it was well
23 pointed, did the standard procedure in the industry,
24 went by the guidelines of the DOT, states that you put
25 your pipe in a dry ditch, which we did. As I mentioned

16 1 nine and a half foot in the ground, that size pipe as
 2 you get a few feet in, you may be two or three hours.
 3 A very, very small amount of groundwater came in the
 4 bottom of the trench. Of course, you put a fill in
 5 there, put a jumping jack in there, it will bring that
 6 water up in two jumps.

 7 If I was permitted to get above that water like it
 8 mentions in this specifications until the ground will
 9 support a mechanical tamper, I would have had no problem
10 throughout the job. I could have gone, put the fill in,
11 gone up with it like we did at a later date after
12 December the 3rd. They allowed me to backfill it all
13 the way to the top of the pipe before I needed my
14 densities.

15 MR. CARLILE: In those areas initially water was
16 not a problem?

17 MR. MATHEWS: If I may, I might be able to shed
18 some light on your question. The only difference in the
19 pipe trench, Mr. Taylor encountered a layer of hardpan
20 when he hit wet conditions.

21 In the previous, when he was having a problem with
22 dry material, he had A-3 material in both trenches but
23 he did encounter a layer of hardpan in there. When he
24 hit that, correct me if I'm wrong, that's where he had
25 water problems. He didn't have the layer of hardpan in

1 the previous runs.

2 CHAIRMAN COWGER: The layer of hardpan was below
3 the bottom of the pipe trench?

4 MR. TAYLOR: There was actually some above it and
5 below it. There was a couple of layers in there. When
6 I laid the pipe, it was dry. There again on the
7 pictures it will show on the far side of the trench,
8 the points had a hard time pulling that distance through
9 hardpan. Water doesn't percolate that well.

10 CHAIRMAN COWGER: DOT, I think we're going to
11 have to let you rebut on that a little bit. We haven't
12 heard that testimony before, not that specifically.

13 MR. MOORE: If you go back to the graphs we have
14 put in our package on the pipes on this particular area,
15 you will find that the backfill was -- that they were
16 putting in on top of the pipe, around the pipe, was
17 under moisture, it was not too much moisture, it was
18 under moisture.

19 What they did is they put dry material in around
20 the pipe, tamp the water up from below. You can't get
21 density on dry material, you just can't do it. You can
22 put a ten-ton roller on dry material and you don't get
23 density.

24 CHAIRMAN COWGER: Were those pictures of the
25 roller working alongside the pipe in the area -- in this

1 800 to 1200-foot problem area or was that elsewhere?

2 MR. TAYLOR: That was across the street and that
3 was optimum moisture, and we still couldn't get density.
4 That compactor brought that material up to optimum
5 moisture. It was 99.2, 99.3 from a jumping jack to a
6 16-ton roller, the charts on the reports, the written
7 reports show it made no difference. That befuddles me.
8 I can't understand that at all. I've been doing pipe
9 work for 30 years and I've never had a problem with
10 densities on a DOT job like this ever.

11 CHAIRMAN COWGER: What you're saying is where we
12 saw that roller sitting down in the pipe trench was not
13 in the area in dispute, it was in another area, but the
14 problem you were encountering was just flat out you
15 couldn't get the density?

16 MR. TAYLOR: That's correct. That's the area
17 that the inspector mentioned that they went ahead and
18 passed all those densities and we put the four and a
19 half foot of fill in.

20 MR. CREWS: May I make another comment.
21 Mr. Taylor, I had met with him, had made an alternate
22 suggestion regarding this hardpan material, that he
23 contended is a little bit questionable about trying to
24 compact it.

25 On this project we had an embankment or a truck

17
1 borrow. I had suggested to him with approval we could
2 haul some material in from the borrow pit, which was
3 extremely good material and take this material and
4 spread it on the roadway, if this would allow him to
5 continue. That wasn't even considered.

6 We had considered several options, had suggested
7 to Mr. Taylor several options. None of those options
8 Mr. Taylor ever considered that I'm aware of.

9 CHAIRMAN COWGER: You were proposing that he bring
10 in some select material to begin his backfill with?

11 MR. CREWS: Not select material. There was --
12 for borrow excavation, to bring in a very good material
13 that we have used on other jobs to allow him to
14 continue. If he would just take this material, use it
15 on the fill.

16 CHAIRMAN COWGER: I understand. I will withdraw
17 the word select, in that select has a special meaning.

18 MR. CREWS: That's right.

19 CHAIRMAN COWGER: But this borrow material that
20 you were talking about bringing in would have been used
21 to backfill the pipe for the first few feet from the
22 bottom of the trench up?

23 MR. CREWS: Yes, sir.

24 CHAIRMAN COWGER: Not to undercut below the bottom
25 of the trench?

1 MR. CREWS: Yes. This was suggested to
2 Mr. Taylor.

3 CHAIRMAN COWGER: Mr. Turnbull, do you have some
4 questions?

5 MR. TURNBULL: Where you did not have the hardpan,
6 did you have any water problems?

7 MR. CREWS: Did that hardpan come into the area --
8 you didn't have a water pump out there?

9 MR. MERCER: From the beginning of the project
10 until the first of November we had no water problems at
11 all.

12 MR. TURNBULL: Where you had the hardpan, how low
13 below the pipeline was the hardpan, average?

14 MR. TAYLOR: Another five foot. It was solid
15 hardpan -- we hit the hardpan a little bit above where
16 the pipe was laid. From there down, because I went out
17 there on a Saturday myself.

18 I ran the machine, dug test holes on that 1200
19 foot of pipe that we mentioned. We had to punch those
20 points in there. Dug test holes. We hit that hardpan.
21 We never did find the bottom of it. It was probably
22 another three or four feet.

23 MR. TURNBULL: Hardpan has a high capillary
24 attraction?

25 MR. TAYLOR: Uh-huh.

1 CHAIRMAN COWGER: We are going to restrict the
2 testimony now to Mr. Turnbull's question. Mr. Taylor
3 has answered.

4 DOT, do you have anything further to say that
5 specifically relates to Mr. Turnbull's question?

6 MR. TOLLEFSEN: In regards to the area of the
7 hardpan, I don't think we had full well points there
8 either.

9 MR. TAYLOR: I punched them in with a punch.

10 MR. TOLLEFSEN: He cut back on the well points.

11 MR. TAYLOR: Punched them in with a punch.

12 CHAIRMAN COWGER: Mr. Turnbull, Mr. Carlile, it's
13 my opinion we have all the testimony we need. As we
14 said earlier, or as I said earlier in the hearing, we
15 might offer Mr. Taylor the opportunity to make a
16 written submittal. I see no reason to do that, do
17 you?

18 MR. TURNBULL: I don't.

19 MR. CARLILE: No.

20 CHAIRMAN COWGER: We think we have enough to act
21 on at this point. We are going to go with the testimony
22 we have now.

23 MR. TURNBULL: Lot of testimony, lot of
24 repetition.

25 CHAIRMAN COWGER: We are going to close the

1 hearing out. The hearing is hereby closed. The Board
2 will meet on May 15, 1990, to deliberate on this claim.
3 You will have our order shortly thereafter.

4 (Whereupon, the proceedings were concluded at 1:10 p.m.)

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

CERTIFICATE OF REPORTER

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25


STATE OF FLORIDA)
COUNTY OF LEON)

I, CATHERINE WILKINSON, Certified Shorthand Reporter and
Notary Public in and for the State of Florida at Large:

DO HEREBY CERTIFY that the foregoing proceedings were
transcribed by me at the time and place therein designated;
that my shorthand notes were thereafter reduced to
typewriting under my supervision; and the foregoing pages
numbered 1 through 79 are a true and correct record of the
aforesaid proceedings.

I FURTHER CERTIFY that I am not a relative, employee,
attorney or counsel of any of the parties, nor relative or
employee of such attorney or counsel, nor financially
interested in the foregoing action.

WITNESS MY HAND AND SEAL this, the 25th day of April,
A.D., 1990, IN THE CITY OF TALLAHASSEE, COUNTY OF LEON,
STATE OF FLORIDA.



CATHERINE WILKINSON
CSR, CP, CCR
Post Office Box 13461
Tallahassee, Florida 32317

My Commission Expires June 27, 1990